

Rough draft

1 February 26, 2018 seminar.

2 >>Dr. Garrison: Can I get everyone's
3 attention. I would like to introduce our
4 speaker for today, this is Jonathan Kay from
5 the lunar and planetary institute. A post
6 doctoral researcher, and his interests are
7 primarily about studying planetary body
8 surfaces and structure change, such as
9 analyzing and modeling icy moons of the outer
10 solar system. Today he will talk about
11 something interesting and also I want to remind
12 you the lunar planetary institute is our
13 neighbor nearby next to where the new science
14 building is going to be. So if you are
15 interested in the talk, definitely ask the
16 speaker questions afterwards. And maybe a lot
17 more you can learn and collaborate. I believe
18 they have a summer internship program. I've
19 been at the LPI for about six months. I
20 started in August. I finished my PhD at the
21 University of Illinois at Chicago. So I'm new
22 to this but if you need any one to talk to
23 about what it's like afterwards I can give you
24 my email address. So I'll talk about today is
25 one of the chapters of my dissertation on

Rough draft

1 formation of the long wavelength of the
2 lithosphere. I'll explain at some point during
3 the talk. Before that I want to give you a
4 little background info on the Saturnian system
5 and what we know about it. So as you might
6 have heard the Cassini mission just finished
7 orbiting Saturn. This was launched in 1997.
8 It had about a seven year flyby to orbital
9 period to Saturn where it used various gravity
10 assists to get there. The mission ended in
11 2017 with a fiery a crash into Saturn which was
12 pretty expediting for us. And we got a lot of
13 cool data out that have. And it's what known
14 as a flagship mission which is the highest
15 class that NASA launches. It cost about
16 2.2 billion in 1997, but this mission they
17 started planning in the late 80s, obviously
18 this is artist rendition. It's a joint
19 NASA/ESA mission. They included a drone on it
20 that landed on one of the moons. So the
21 Saturnian system has over 62 moons that are
22 larger than a kilometer in diameter. So much
23 like lowering the status of Pluto. We only
24 talk about three or four of them because we
25 don't want people to memorize them all. So the

Rough draft

1 big one that you will see is Titan, it's about
2 2500 kilometers in radius, that makes it bigger
3 than our moon. It's the second biggest moon in
4 the solar system after Ganame(PH). That's the
5 moon of Jupiter. But some of the other once
6 that are of great interest are Iapetus and a
7 little about some of the notable moons of the
8 system are Titan. we always knew that Titan
9 had a mysterious in the late 70s we sent two
10 spacecrafts out Voyager which currently just
11 left the solar system, but Titan we knew was
12 interesting because it had such a thick
13 atmosphere. About 60 percent thicker than the
14 earth's atmosphere. This atmosphere is made of
15 methane and nitrogen. And we have a lot of
16 trouble seeing into Saturn, Titan, sorry, with
17 the visible instruments. So we have to use
18 radar instruments and Titan has a haze because
19 of the sort of interaction between the long
20 hydrocarbons and the radiation from the Sun.
21 One of the most interesting aspects it's near
22 the triple point of methane in temperature. So
23 water on the earth is near the triple point
24 which means basically you can go in liquid
25 water to solid water and to gas source water on

Rough draft

1 the surface. So Titan actually has all three
2 of these. This is an artist rendition of the
3 probe that went through. On the right is an
4 actual picture they got at the base. One thing
5 you will notice there's not a lot of visibility
6 but you see a rounded ice. I don't know how
7 many geologic background you have. It means
8 that it has to sort of go down a river bed
9 where the angular fragments get broken off. So
10 that means that these are likely
11 (indiscernible) deposited. What we see with
12 follow-up image, this occurred before this
13 image did on the right. This is a visual
14 image. One thing you will see is what is known
15 as dendritic river valleys and that's what you
16 get when you have that raining on a mountain
17 that starts to erode and these feed into it.
18 Much like the Mississippi had branches that
19 feed into it and dump into the gulf. You see
20 similar things on Titan. So we see some large
21 might towns on Titan's, large relatives to a
22 body of another part of the solar system. So
23 once again we have this image. But most of the
24 data we were able to get off of Titan are
25 through this radar instrument on Cassini. So

Rough draft

1 what you get are unusual looking images where
2 you see there are some bright and dark spots.
3 It turns out these dark spots are actually
4 giant lakes of methane. And so they absorb all
5 of this radiation, sorry the radar information.
6 And so that, there's nothing for the radar to
7 reflect off of. So we think there are largely
8 methane and ethane. The more we know about
9 Titan it looks like you have the ground is this
10 methane ice. Once you get below that at a
11 certain pressure there's likely a liquid
12 methane ocean underneath. One of the themes in
13 the satellites are these oceans that exist
14 below the surface. Another one of these moons
15 that we sort of had expectation that Titan
16 would be interesting because we knew there was
17 an atmosphere, but another moon we didn't
18 expect anything was this tiny moon of
19 Enceladus. About 250 kilometers in radius.
20 For reference this is Great Britain. So we saw
21 this picture early in 2004. I was an
22 undergraduate in Massachusetts, what we are
23 looking at is the South Pole of Enceladus.
24 what you see is it's illuminated from behind.
25 These are actually active jets coming out of

Rough draft

1 the surface of water. So we thought it would
2 be a boring dead piece of ice is geologically
3 active emitting material into space. One of
4 the ways that we age one of these satellite is
5 look for craters. You will see in this
6 northern part or hemisphere there are ton of
7 craters. But as we look in the southern
8 portion you don't see any craters. So these
9 are what we call tiger stripes. This is where
10 the material was getting out of the surface.
11 One of the ways you can generate heat on these
12 bodies is through orbital resonance so that's
13 where -- basically these two satellites drive
14 each other to have a more elliptical orbit so
15 the more energy you generate and the more heat
16 you have. This is another one of these bodies
17 that he with think has a subsurface ocean and
18 if you were to look at a higher resolution
19 image of these South Pole you would see older
20 tiger stripes occurring orientations that are
21 different from these that are no longer active
22 so. That's one of the ways we think that it
23 has a success surface ocean. That basically
24 happens that you have this shallow core
25 underneath and then a liquid ocean and then

Rough draft

1 this ice shell, so the core on this ice shell
2 can rotate on different rates. If you have any
3 questions I'm happy to answer as we go. So by
4 see both the really young surface and old
5 surface. We don't know what causes this
6 dichotomy. Some people think it's an impact.
7 Other people think planetary geists say it's an
8 impact and they don't know what it is. Sort of
9 ongoing joke in the community. But this is
10 just a contrast of where the plume it's are and
11 what the tiger stripes look like. A lot of
12 interesting geology. Just another image of it
13 and then these images on the right, are close
14 ups of the tiger stripes. We are not sure
15 exactly what's going on between the region.
16 Some people think they are normal faults. I
17 personally think that they are regions of
18 folding that is sort of my MO. Some other
19 interesting moons of Saturn are Mimas. Sort of
20 a death star looking moon. That's a giant
21 impact. You can see how it's super saturated
22 with craters and that means every time a new
23 crater forms it erases an old one. Then we
24 have a Hyperion more regular, it might have
25 been captured by Saturn. It looks more like

Rough draft

1 pomace suggesting a lower velocity material.
2 And then we have Dionne. We don't know why
3 these cliffs forms. And then late in Cassini's
4 orbit around Saturn we found this really weird
5 moon Pan. And Pan is within the ring so it's
6 probably like an object that gravitationally
7 curves and started to accumulate material.
8 It's kind of like two half circles with playing
9 cards (ph) in between. And it also has weird
10 fractures on it. So that's another one of
11 these weird moons. So that's just six of 62.
12 I'm going to talk about Iapetus today. It has
13 three things going for it. It doesn't have an
14 atmosphere or any active tectonic so it's not
15 as well loved among the Saturnian satellites.
16 But it has alternating light and dark on each
17 hemisphere. We think that has to do with some
18 prophecies related to, I forgot the word.
19 Anyway, so it's a lot bigger than Enceladus.
20 About 7130 kilometers. It has a really bizarre
21 equatorial ridge. The angle is hard to see.
22 This ridge is 20 kilometers tall in places.
23 It's taller than mount he have rest. Up to
24 100 kilometers wide. It's not continuous over
25 the surface. It also has an equatorial bulge

Rough draft

1 which is about 5 percent flattening and A minus
2 C is 35 kilometers. When I say that I mean the
3 equatorial radius is 35 kilometers larger than
4 the polar radius, which is a lot. It's about
5 .1 percent on the earth. So that is what I'll
6 be talking about today but I want to give a
7 little more background on Enceladus ridge. So
8 what we think happened is that there was
9 another body that was within the Hill sphere of
10 Enceladus which is its own gravitational field
11 so. This moon may have had its own moon. But
12 it got so close that it was torn apart by tidal
13 effect. If you tear apart this moon tidal, it
14 happened slowly. Those will run out of orbital
15 energy and slowly start to creep around the
16 equator and bombarded the surface with small
17 particles. If you have enough of them you can
18 build up one of these ridges. That sort of the
19 leading idea. One person described it as the
20 least terrible idea I've heard that makes sense
21 to describe this feature. Here's is just an
22 angle view of it. So it is very rare in the
23 solar system. We don't see anything else like
24 that. But it's really cool feature and a lot
25 of people are interested in it.

Rough draft

1 Just another picture of
2 Iapetus(ph). Sometimes you
3 look at our output and it's
4 nice just to look at the
5 pictures every once in a while.
6 So this is the geologic mass.
7 Cassini orbited Saturn for a
8 long time. Based on the way
9 the orbital mechanics work out
10 you have little coverage of
11 Iapetus so we have very few
12 high resolution images. Of
13 those we don't have global
14 coverage. So you can see this
15 whole region is washed out.
16 But to get into what I'm
17 talking about today. I'm
18 talking about this equatorial
19 bulge, it has 5 percent
20 flattening and this $A - C$
21 of about 35 kilometers.
22 Okay. Most of my work involves
23 trying to estimate what are the
24 consequences of certain heat
25 flux on a surface. All the

Rough draft

1 activity we see is driven by
2 planet tear body is driven by
3 this internal heat transfer.
4 So we have a lot of heat budget
5 on the earth interior from
6 radioactive development.
7 Measurement of the heat flow is
8 easy on the earth. You can
9 measure the present day heat
10 flow. But if it's not on the
11 earth it's expensive to do and
12 you can only measure the
13 curve.
14 We have curiosity on Mars, we
15 can measure that. But there's
16 thermal energy you can estimate
17 is this by modeling.
18 There's lots of ways to deform
19 a lithosphere. I define
20 lithosphere as the part you
21 would stand on up to a certain
22 depth that behaves
23 mechanically. So one thing I
24 would like to look at is this
25 folding, which is basically a

Rough draft

1 contraction. You turn it into
2 a periodic deposit.
3 This occurs when you have a
4 strong layer over a weaker
5 layer. If it's a purely
6 elastic behavior so strain that
7 is recoverable tells you when
8 you reach this cohesion point
9 you get buckling but there's a
10 more fluid EVP where you get
11 this folding.

12 So if we can constrain the
13 thickness of this lithosphere
14 which you can estimate from
15 surface features it's possible
16 to constrain the thermal
17 history of the body.

18 The material properties occur
19 along that continuum we call
20 that the (indiscernible)
21 relationship. And you can do
22 that using a semi-analytic
23 model, calculations, or you can
24 look at this process over a
25 continuum using finite element

Rough draft

1 analysis which sort of solves
2 the matrix equation
3 simultaneously which lets you
4 add more detail to the
5 calculations.
6 Just to give you some examples
7 of what these folds look like
8 on the earth of we see these
9 layers, they are deposited
10 horizontally and then there was
11 a compression stress and these
12 were warm and heat and it
13 allows them to shorten through
14 some sort of process.
15 So on a more large scale we
16 call the features form the
17 yield strength envelope. where
18 basically you have stress
19 versus depth. And depending on
20 where you are on this envelope
21 is how things will form. So
22 typically if you are within
23 this region you get a more
24 brittle formed, where you see
25 folding, or if you were to bend

Rough draft

1 a ruler and snap it, that would
2 occur here. The deeper you get
3 then more creep or viscosity
4 driven parameters take over.
5 And then we call this
6 transition the conductor
7 transition.
8 For elastic parameters they are
9 driven by simple equations. Us
10 geologist know how to do some
11 math. This is a relationship
12 basically describes the elastic
13 strength of ice at gas with
14 finite and you an cohesion.
15 Does the material have strength
16 to begin with. And then
17 reaching this conduct all
18 transition there's regimes that
19 take over which respond to
20 boundary sliding or easy slip.
21 And there was differential --
22 this is sort of the
23 relationship that drives the
24 deformation.
25 And this total will describe

Rough draft

1 the strain rate.
2 So I don't know how familiar
3 you are with finite element
4 analysis. But basically using
5 sort of like CAD with physics.
6 You can break up any domain
7 with elements. The way I like
8 to describe it is basically I
9 could draw this table in finite
10 elements space and then
11 estimate by how much this table
12 deflects due to the rate of
13 this projector. Except what I
14 like to do is simulate
15 lithospheres over hundreds of
16 millions ever years.
17 So this solves a boundary
18 problem and breaks the domain
19 into a series of elements. So
20 that's how they communicate
21 with each other and allows for
22 application of real world
23 (indiscernible).
24 So what I did for this work was
25 simulate the deformation of

Rough draft

1 this lithosphere. That's that
2 mechanical layer. This is
3 applicable for both long and
4 short wavelength situations.
5 within the oceans and within
6 the basin and... this can
7 bypass some of the short coming
8 the of the semi-analytic
9 details because it allows you
10 to have more resolution as well
11 as other parameters that are
12 difficult to get.

13 To do this I used Marc finite
14 element program. You may know
15 something else. These are kind
16 of programs that are used in
17 aerospace engineering.

18 So that's the background. So
19 this oblate shape, typically or
20 originally it was thought this
21 occurred because of a spinning
22 of a thick lithosphere. So
23 have you ever seen a tennis
24 ball rotate rapidly and you get
25 that deformation where it goes

Rough draft

1 out on the side. It was
2 originally thought that if you
3 spun a period of about 16 hours
4 you could max the current
5 shape. That's in contrast to
6 the current period of about 80
7 days. So that means that
8 basically as it's spinning 16
9 hours you shot it with some of
10 freeze(ph Ray. You need this
11 thick lithosphere to support
12 it. Because that provides the
13 strength. As soon as that
14 tennis ball stops spinning it
15 goes back to its shape.
16 We try to estimate the heat of
17 the bodies, there's long lived
18 radioactive isotopes and --
19 they put too much heat in the
20 system versus radioactive
21 isotopes which create a
22 lithosphere thick enough during
23 the spinning because there's
24 not a ton of heat. But this
25 model because they are short

Rough draft

1 lived.

2 These are radioactive isotopes
3 that provides this. So this is
4 a plausible model. The physics
5 work. But it's a narrow time
6 constraint.

7 An alternative hypothesis is
8 that what if there was a
9 tectonic or begin rather than
10 something spinning, you have a
11 tectonic origin. So it's
12 possible that as there's a low
13 heat flow you have ice together
14 but it's really porous. I mean
15 it has a lot of holes in it.

16 So the density was not quite
17 what it would be if it was
18 perfect as you might find in
19 Antarctica. So Sandwell and
20 Schubert said it might cook out
21 all of this slowly and that's
22 what is basically would put a
23 really large stress on the
24 surface which would cause
25 buckling. They found that the

Rough draft

1 stresses required to do that
2 were too large and they
3 couldn't get it to work. But
4 what I thought was okay, do we
5 know that these elastic
6 parameters are enough to drive
7 the deformation. We don't see
8 that purely elastic materials
9 we see it as a more complicated
10 elastic viscous plastic
11 material. So I thought rather
12 than buckling, elastic form,
13 what if we have folding of this
14 lithosphere to form the bulge.
15 So we kept a lot of the same
16 parameters. So background
17 about my mesh generation. They
18 were deep enough not to be
19 influenced by this flow field.
20 So the width of the mesh was
21 about one-fourth the
22 circumference. I broke it up
23 into four hemispheres and took
24 one and then unwrapped it. I
25 went to a depth of

Rough draft

1 200 kilometers. My Iapetus is
2 only 700 kilometers in radius.
3 I looked at a range of heat
4 flows you an .1 to
5 5 million watts. This picture
6 is to remind me to tell you
7 that it was important to
8 basically not assume that the
9 equator with a same amount of
10 sunlight (indiscernible) which
11 means the equator is much
12 warmer. That's a crucial
13 detail for this model. I
14 applied a range of surface
15 temperatures from about 50 to
16 100 kelvin. And I used this
17 cosine variation in surface.
18 So if you image a square, the
19 equator and slowly start moving
20 that square up so it faces into
21 the surface, you get about a
22 cosine variation in this amount
23 of sunlight or solar flux you
24 get.
25 And then all of the

Rough draft

1 temperatures are going to be
2 dependent on the thermal model.
3 So this Cosine variation
4 becomes important because if
5 you just sort of squeeze the
6 surface you will get uniform
7 thickening and so nothing will
8 happen in the model. So this
9 surface temperature creates a
10 break. Basically the
11 hypothesis that I was working
12 on is that these variations in
13 surface temperature and heat
14 will drag variations from the
15 heat flow to cause lifting of
16 the surface.
17 So I assumed water ice
18 properties and applied
19 10 percent shortening. which
20 shortening is coming from this
21 velocity where all of circles
22 can move in the X and Y
23 direction and the arrows are
24 where I applied the
25 (indiscernible).

Rough draft

1 For most of my models it's
2 about 100 million years and did
3 a 200 million years. All of
4 these are some of the results
5 of the simulation. I used a
6 more sophisticated reality.
7 Just to illustrate how
8 important both temperature and
9 conductivity are, this is a
10 heat flow of about
11 .75 million watts per square
12 meter at depth. Just to look
13 at how this conductivity broke
14 up.
15 So you might being familiar how
16 with most metals or rock
17 conductivity is just a constant
18 value. For ice it's actually a
19 function of the temperature
20 that influences how conductive
21 the ice is going to be.
22 So I mostly tested around three
23 different parameters because we
24 don't really know exactly how
25 porous the ice is or basically

Rough draft

1 more fractured ice may have a
2 lower velocity. And so which
3 could decrease the
4 conductivity.
5 And then just to show you how
6 much of the range, I have you
7 an .1 million watts per square
8 meter to two pill watt and this
9 is temperature as it approaches
10 depth. You can see at
11 200 kilometers from my you an
12 .1, I'm at 110 and for my
13 2 million-watt I'm at 300. So
14 these can have large impacts on
15 the temperature at depth.
16 Assume at ice one mechanical
17 properties, that basically
18 means what is the young's
19 modulus. I looked at the range
20 of heat flows, and I looked at
21 50 to 100 in 30 kelvin
22 increments and looked at a
23 couple of different
24 conductivities. My goal is to
25 produce 35 of up lift.

Rough draft

1 So some of my most effective
2 models were half of the normal
3 conductivity values so that's
4 about $325 \text{ over } T$. That makes
5 the ice at depth a little
6 warmer. Here you are looking
7 at three outputs of this
8 equatorial minus four radius
9 and then time. So you can see
10 how it grows through time. So
11 what you will see two of my
12 models can produce deformation
13 of a little over 40 kilometers.
14 And one of them is 50 to 80
15 produces a little over five.
16 So suggesting that this heat
17 with lower heat flow is pretty
18 sensitive so it's fairly narrow
19 range. I can do this again
20 through our you an
21 .75 million watts. You are
22 looking at the equatorial, so
23 we see a little warmer now and
24 all three of these the total
25 deformation coming down a

Rough draft

1 little but all of them now are
2 within this narrower range
3 which is producing more than
4 that 35 kilometers.
5 And then we can also look at
6 what are the conductivity do to
7 it. This is heat flow of you
8 an .75. It seems to play a
9 large role in the final
10 deformation.
11 We can also look at what these
12 individual heat flows do. So
13 60 to 90 kelvin. 325.5. Four
14 different heat flows here. You
15 will notice now 2 million watts
16 so we are starting to get too
17 warm for that ice to support.
18 We need to be in some narrow
19 window where the ice is warm
20 enough to move relative to the
21 pole. The ice needs warm up to
22 move relative to the pole but
23 not too warm that it can't
24 support anything.
25 So in all of these models and

Rough draft

1 most of them we are able to
2 produce more than we observed
3 the amount of deformation which
4 is good. That's always good
5 when you are trying to write
6 your PhD. We need a way to
7 break that lateral homogeneity.
8 I was able to do that through
9 this cosine surface profile.
10 We found that the deformation
11 was concentrated at the low
12 latitudes which lifted them to
13 the high latitudes which makes
14 sense because in the models in
15 the sweet spot, the ice at the
16 equator was warmer and when it
17 was warmer it was a little more
18 mobile versus the ice at the
19 pole which is stiff fer so the
20 equator ice thickened while the
21 ice at the pole didn't really
22 want to move.
23 So caveats to some of this
24 work. I assumed that it was
25 differentiated. That means it

Rough draft

1 separated density. So like the
2 earth is undifferentiated. The
3 ice would probably be a little
4 stiff fer because it would have
5 a lower bulk density and
6 fraction of rock. So I don't
7 think this would expect the
8 conclusion to change too much.
9 I didn't do this? Spherical
10 geometry which didn't include
11 the membrane effects of it.
12 This would make the lithosphere
13 more resistant to change. But
14 that is mitigated by the fact
15 that I was able to in order to
16 solve the success models I
17 could produce more deformation
18 than just presently observed.
19 So that leaves wiggle room for
20 not having to turn the dial
21 perfectly on it. And also
22 leads to some potential
23 long-term relaxation. So all
24 of these models said that they
25 formed within 100 million years

Rough draft

1 of the formation of the body
2 and so the solar systems like
3 4.4 billion years old, so
4 that's a lot of time to sit
5 there. So it might be
6 shrinking in time.
7 Also this 100-kilometer
8 lithosphere thickens, this
9 mechanical layer closely
10 correlated it spherical
11 harmonic two to three. So the
12 membrane stresses are just
13 becoming clear.
14 So this work was able to
15 reproduce the bulge of Iapetus
16 through folding. If you have
17 the equator variation in
18 surface temperature you have a
19 heat flow between one half
20 million watt and 1 million-watt
21 and is a he poke planetary con
22 subtraction. (Epoch). Even
23 the rotational models, this
24 rotational epoch. So all of
25 this work was through LPI and

Rough draft

1 NASA and Hawk and Dombard. It
2 takes a while to get this stuff
3 done and it was worth it and a
4 lot of fun to do.

5 So with that I'll take any
6 questions that you have.

7 >>Dr. Garrison: Any questions?

8 >> (inaudible).

9 >>Dr. Kay: You have this orbital
10 resonance on some bodies, primarily in
11 Enceladus and dionne. It's more known in the
12 Jupiter system where you have Europa and
13 (indiscernible). And a Iapetus is in terms of
14 orbital distance from Saturn which is actually
15 likely why this model works for formation
16 because if you have this orbital resonance
17 you have a higher heat flow over its existence
18 which would have cooked it out which is why you
19 don't see it in a lot of bodies. So it needs
20 to be a special case where it's far enough away
21 to undergo this sort of loss and not be in
22 (indiscernible).

23 >> (inaudible).

24 >>Dr. Kay: Sorry, do you mean -- so the
25 that likely happened a little after the

Rough draft

1 formation of the bulge. And so it also
2 happened pretty slowly, more like a slow crawl
3 than like a landslide. It slowly rained down
4 on the surface.

5 >> (inaudible).

6 >>Dr. Kay: It's possible that that could
7 have sped up the rotation, sort of like a
8 gravity assist. But it depending on the exact
9 parameters for smaller body might have actually
10 sped off at a cost of stealing some angular
11 momentum from the bigger body. Because all of
12 that has to be conserved.

13 >>Dr. Garrison: Any more questions?

14 >>Dr. Kay: So Titan just got selected as
15 part of discovery class which is a smaller
16 class mission to fly basically a drone and have
17 it visit. It's competing against one other
18 moon, a small body in the asteroid belt. So I'm
19 helping that Titan gets selected. We are -- we
20 won't know that for a while so that won't
21 probably get there until the 20 30s.

22 Recurrently we are getting close to launching
23 in a couple of years the Europa clipper which
24 will fly to Europa and take a couple years
25 hopefully of images of Europa. And then

Rough draft

1 there's some talk of maybe a smaller mission
2 going to Enceladus. But there are not a lot,
3 these missions are expensive and there are not
4 a ton of opportunities to do them.

5 >> (inaudible).

6 >>Dr. Kay: So a lot of that depends on
7 what conductivity value you use. Do you think
8 that I should conduct (indiscernible). It's
9 unlikely to be on Enceladus -- iopodus (ph).
10 what do you think the heat flow is. So I think
11 that basically it's likely the heat flow is low
12 and the conductivity is going to be between
13 those two parameters. So I think at about
14 200 kilometers that you would have somewhere
15 around the order of 250 Kelvin, maybe shooting
16 up to 270. I think these bodies are pretty
17 cold. And there's likely a small liquid ocean
18 really deep, but just the pressure as you go
19 down you need a much, it could be much warmer.

20 >> (inaudible).

21 >>Dr. Kay: Sorry, that's just sort of the
22 mechanical of the lithosphere. You will get
23 liquid water but it will be (indiscernible).

24 >>Dr. Garrison: Other questions?

25 >> (inaudible).

Rough draft

1 >>Dr. Kay: So it doesn't look like there
2 are any other places within Jupiter or Saturn
3 to test it. We only had data from the 70s from
4 Uranus and Neptune. So it's possible one of
5 those have it -- systems that it's hard to
6 disprove some of these theories because there's
7 so much variation. So there's also talk of an
8 ice giant mission to either one of those
9 planets to do some follow-up work. It would be
10 neat if there's another one but...

11 >> (inaudible).

12 >>Dr. Kay: It would -- so the finite
13 element doesn't care what body it is. But the
14 other target satellites would either be too
15 small so not enough heat. Or too close to
16 Jupiter or Saturn so it might be more likely to
17 be in an orbital resonance which would cook out
18 the heat more quickly. So it's all about that
19 heat budget.

20 >> (inaudible).

21 >>Dr. Kay: It's always about sort of
22 trying to understand how things form and why
23 they form and what are the consequences for the
24 ice sheets and how they swell. I think it's
25 sort of just a general trying to improve our

Rough draft

1 knowledge, which I like.

2 >>Dr. Garrison: Let's thank our speaker.

3 (APPLAUSE).

4 (End of talk)

5 ***DISCLAIMER***

6 THIS TRANSCRIPT IS A ROUGH

7 DRAFT FROM THE CART PROVIDER'S

8 OUTPUT FILE. THIS FILE MAY

9 CONTAIN ERRORS. THIS

10 TRANSCRIPT MAY NOT BE COPIED OR

11 DISSEMINATED TO ANYONE.

12

13

14

15

16

17

18

19

20

21

22

23

24

25